

# **EXHIBIT 5**

JOHN J. DONOHUE Volume II  
FLANAGAN, et al. vs BECERRA, et al.

August 08, 2017  
233

1 UNITED STATES DISTRICT COURT  
2 CENTRAL DISTRICT OF CALIFORNIA - WESTERN DIVISION  
3

4 MICHELLE FLANAGAN, SAMUEL  
5 GOLDEN, DOMINIC NARDONE, JACOB  
6 PERKIO, and THE CALIFORNIA  
RIFLE & PISTOL ASSOCIATION,

7 Plaintiffs,

8 vs.

No.  
2:16-cv-06164-JAK-  
AS

9 CALIFORNIA ATTORNEY GENERAL  
10 XAVIER BECERRA, in her  
11 official capacity as Attorney  
12 General of the state of  
13 California, SHERIFF JAMES  
14 McDONNELL, in his official  
capacity as Sheriff of Los  
Angeles County, California,  
and DOES 1-10,

Defendants.

~~~~~

17 DEPOSITION OF JOHN J. DONOHUE

18 Volume II

19 August 8, 2017

20 9:30 a.m.

21 559 Nathan Abbott Way

22 Stanford, California

23  
24  
25 Joan Theresa Cesano, CSR No. 2590



JOHN J. DONOHUE Volume II  
FLANAGAN, et al. vs BECERRA, et al.

August 08, 2017  
234

1 APPEARANCES OF COUNSEL

2  
3 For Plaintiffs:

4 MICHEL & ASSOCIATES  
5 SEAN A. BRADY, ESQ.  
6 (via video-teleconference)  
7 180 East Ocean Boulevard, Ste. 200  
8 Long Beach, California 90802  
9 652.216.4444  
10 sbrady@michellawyers.com

11 For Defendants:

12 JONATHAN M. EISENBERG, Deputy Attorney  
13 General  
14 STATE OF CALIFORNIA, DEPARTMENT OF JUSTICE  
15 OFFICE OF THE ATTORNEY GENERAL  
16 (via video-teleconference)  
17 300 South Spring Street, Ste. 1702  
18 Los Angeles, California 90013  
19 213.897.6505  
20 jonathan.eisenberg@doj.ca.gov  
21  
22  
23  
24  
25



JOHN J. DONOHUE Volume II  
FLANAGAN, et al. vs BECERRA, et al.

August 08, 2017  
235

INDEX OF EXAMINATION

WITNESS: JOHN J. DONOHUE

| EXAMINATION      | PAGE |
|------------------|------|
| By Mr. Brady     | 237  |
| By Mr. Eisenberg | 333  |

\* \* \*



800.211.DEPO (3376)  
EsquireSolutions.com

## INDEX TO EXHIBITS

## EXHIBITS

## MARKED

|    |                                                                                                                                                 |     |
|----|-------------------------------------------------------------------------------------------------------------------------------------------------|-----|
| 14 | U.S. Census Bureau: FBI Table 1-1A                                                                                                              | 277 |
| 15 | Article: Does carrying a gun make ou safer: No. In fact right-to-carry laws increase violent crime, by Patt Morrison                            | 318 |
| 16 | Executive Office of the President of the United States: Economic Perspectives on Incarceration (sic)and the Criminal Justice System, April 2016 | 334 |
| 17 | Journal of Economic Literature 2017: Criminal Deterrence; A Review of the Literature, Aaron Chalfin and Justin McCrary                          | 339 |
| 18 | Journal of Economic Perspectives, Vol 31, No. 2, April 2017: The State of Applied Econometrics: Causality and Policy Evaluation                 | 342 |
| 19 | UCR: Uniform Crime Reporting Handbook, Revised 2004                                                                                             | 359 |

JOHN J. DONOHUE Volume II  
FLANAGAN, et al. vs BECERRA, et al.

August 08, 2017  
237

1 STANFORD, CALIFORNIA;  
2 TUESDAY, AUGUST 8, 2017; 9:30 A.M.

3  
4 JOHN J. DONOHUE,  
5 having been first duly sworn, testified as  
6 follows:  
7

8 EXAMINATION  
9

10 BY MR. BRADY:

11 Q Can you please state your name for the record?

12 A John Donohue.

13 Q Hello, Professor Donohue, we met before when I  
14 previously deposed you in this matter on July 12th of this  
15 year; is that correct?

16 A That's correct.

17 Q And is it your understanding that we're here  
18 today because during that July 12th deposition it became  
19 known that there was an updated version of an exhibit to  
20 your report, specifically Exhibit B, that plaintiff's  
21 counsel had not seen before that day?

22 A Yeah, I wasn't totally sure exactly why the  
23 request was, but I assume that that was part of the  
24 thinking.

25 Q But you do have an updated version of your



800.211.DEPO (3376)  
EsquireSolutions.com

1 it a deposition exhibit, most likely. And that's the  
2 standard way of doing it.

3 All right. Let me just take one second here.

4 N-A-W --

5 THE COURT REPORTER: Is this off the record? I'm  
6 sorry.

7 MR. EISENBERG: Should we go off the record?

8 I think we should because, I mean, I personally  
9 think we should because I don't want to eat up more of  
10 Mr. Brady's time.

11 I'm happy to go off the record for this.

12 MR. BRADY: Sure.

13 (Discussion off the record)

14 MR. BRADY: Back on the record.

15 Q So Professor Donohue, we have established that  
16 there has been an updated version of your Exhibit B to  
17 your expert report that plaintiff's counsel did not have  
18 at -- prior to your July 12th deposition; is that correct?

19 A Yes, that's my understanding.

20 Q And during -- during that deposition, plaintiff's  
21 counsel located the updated version and it was entered  
22 onto the record as Exhibit 10; is that correct?

23 A I think that's correct.

24 MR. EISENBERG: I'll go ahead and answer for  
25 that, I mean, this is not a memory contest for Mr. --

1 Professor Donohue.

2 I don't know if the exact number is Exhibit 10  
3 but we agreed that you did, in fact, reintroduce that  
4 deposition which I believe you had an assistant print out  
5 in the middle of the deposition.

6 THE WITNESS: Yes, correct.

7 BY MR. BRADY:

8 Q So the version of your study, the original  
9 Exhibit B, included both a panel data analysis and a  
10 synthetic control analysis of right-to-carry laws; is that  
11 correct?

12 A That's correct.

13 Q And your updated version does the same?

14 A That's correct.

15 Q Okay. So in the study with the panel data  
16 analysis, you mentioned estimating multiple models of  
17 crime rates, the DAW model, which is your model; correct?

18 A Correct.

19 Q And three other models; is that correct?

20 A That's correct.

21 Q And you stated that you felt that the DAW model  
22 was the best of the four; correct?

23 A That -- that is the one that I was most  
24 comfortable with.

25 Q Okay. And that's your model; correct?



1 A That's -- that's correct.

2 Q Are there other possible models which included  
3 other sets of control variables that -- that you could  
4 have used other than these four?

5 MR. EISENBERG: Objection; vague and ambiguous as  
6 to "possible" and "could have used."

7 But you may answer, Professor Donahue.

8 In fact, unless I say please don't answer, go  
9 ahead and answer.

10 A Yes. One could pick and choose among the four  
11 models that I included to either add or eliminate certain  
12 explanatory variables, so every addition of an explanatory  
13 variable or subtraction of an explanatory variable would  
14 constitute a different model.

15 So in that sense, one could alter these models  
16 and get different specifications.

17 BY MR. BRADY:

18 Q So, there could be other models that included  
19 control variables that you did not consider; is that  
20 accurate?

21 A Well, indeed the other models all had some  
22 explanatory variables that I did not include.

23 So right in my paper you see that in addition to  
24 the model that I felt was the best, the DAW model, the BC  
25 model as well as the MM and LM models, all had at least

1 some explanatory variables that were different from mine  
2 and therefore, mine could be amended to include variables  
3 that they had.

4 So those would all be different models that one  
5 could use.

6 Q But are there models that included control  
7 variables that none of the four models used?

8 MR. EISENBERG: Objection; vague and ambiguous.

9 A One -- one could certainly add additional  
10 explanatory variables to the ones that are in these four  
11 models.

12 BY MR. BRADY:

13 Q And is it possible that these other models, the  
14 ones other than the four, could be better at explaining or  
15 predicting violent crime rates?

16 MR. EISENBERG: Objection; vague and ambiguous as  
17 to "other models."

18 A I do think that it is a conceptual possibility  
19 that, you know, other explanatory variables or even  
20 permutations of the explanatory variables that I used  
21 could be better in the sense of, you know, being a better  
22 representation of the factors that explain violent crime.

23 BY MR. BRADY:

24 Q So it's possible that the use of other models  
25 could yield estimates of the effects of right-to-carry

JOHN J. DONOHUE Volume II  
FLANAGAN, et al. vs BECERRA, et al.

August 08, 2017  
250

1 I did think I got better panel data estimates than were  
2 possible back in 2004, but I still felt that there was  
3 some element of accuracy in their concerns about the  
4 robustness of panel data models and --

5 BY MR. BRADY:

6 Q So more years -- more years considered in panel  
7 data analysis, does that necessarily make the analysis  
8 more robust?

9 MR. EISENBERG: Actually, I want to object; I'm  
10 not sure that Professor Donohue was finished with his  
11 answer before you asked the question, so I want to see if  
12 he has more to say before he answers the next question.

13 THE WITNESS: I'll wait for the next question.

14 MR. EISENBERG: Okay.

15 BY MR. BRADY:

16 Q So would more years being considered in a panel  
17 data analysis -- pardon me, necessarily make the results  
18 more robust?

19 Is more years better, in other words?

20 A Yes, more years is almost always better.

21 But -- and I think if you look at the panel data  
22 analysis of my paper and other scholars look at it, they  
23 will say, oh, the results have become more stable by  
24 virtue of having 14 years of additional data plus 11  
25 additional adoptions.



1 But I think you can still see that there is a  
2 difference among some of the models and so, if someone  
3 said, I believe, you know, this particular model is better  
4 than the DAW model, you -- you could argue over which one  
5 is -- is the right model. So there is some variability in  
6 the panel data results.

7 It's just that at this point I have not seen any  
8 model that would suggest the adoption of right-to-carry  
9 laws improves crime.

10 Q So let me -- let me see if I am correctly  
11 understanding what you said.

12 In doing this analysis, it's generally better to  
13 have more years of data to look at unless the -- the  
14 models you're running are bad models, then it doesn't  
15 matter if you have more data; right?

16 A It is true that if you have a bad model, more  
17 years won't necessarily help you. It's just that other  
18 things being equal, more years and more observations of  
19 states adopting right-to-carry should help you get a  
20 better model and better fit.

21 Q And is it -- is it possible -- remember this is  
22 just a possibility, that the models that you analyzed for  
23 the DAW are just bad models?

24 MR. EISENBERG: Objection; vague and ambiguous as  
25 to "impossible" -- or sorry -- "possible."

1           A    It -- I think it requires me to explain a little  
2 bit about what I would consider to be a bad model.

3                   And so a model that allows me to get an accurate  
4 estimate of the impact of right-to-carry laws on crime  
5 could be a bad model in the sense of not explaining all  
6 the aspects of crime very well, but still a good model if  
7 it -- if it gives us an accurate prediction of the impact  
8 of right-to-carry laws.

9                   And so the differentiation on -- I'm having here  
10 is, it depends a little bit on what you're trying to do.

11                  If you're trying to explain every change in crime  
12 across 50 states over, you know, a 39 year time period,  
13 which is what we were looking at here, you may -- you may  
14 not be as good at explaining all of the alterations.

15                  But as long as you're getting an accurate  
16 estimate for the impact of right-to-carry laws, then your  
17 model would still be good in my mind for the purposes to  
18 which I was applying the model.

19                  So in my case, any model that gives me an  
20 accurate prediction of the impact of right-to-carry laws  
21 on crime I will think will be a good model.

22                  BY MR. BRADY:

23                  Q    And how can you know what variables that affect  
24 violent crime rates need to be controlled for?

25                  A    Well, that's an excellent question. And one --

1 one looks to the prior literature and the theoretical  
2 basis for including certain explanatory variables and over  
3 time the literature will coalesce around a certain set of  
4 explanatory variables.

5 And then, of course, if someone feels that an  
6 additional explanatory variable would be helpful, and you  
7 can collect data for that variable, then you would -- you  
8 would be invited or encouraged to include that and see if  
9 it made a difference.

10 And so in this case I was -- I was using a lot of  
11 different models, including models that in the past had  
12 been used to argue that right-to-carry laws actually  
13 decreased crime and -- and as well as, models of my own  
14 choosing, and models by other researchers who were looking  
15 at crime. So I thought I was getting a fair cross section  
16 of possible models in doing my analysis.

17 But as you say, there could be other explanatory  
18 variables that none of these models included.

19 Q Can you explain, summarize how you went about  
20 choosing what models to include?

21 A Yes. I think as we mentioned in the prior  
22 deposition I had been working in this general area of  
23 trying to analyze the impact of right-to-carry laws for  
24 quite a number of years and written quite a number of  
25 papers on this.

1 And so over time you spend a lot of time refining  
2 your thinking and looking at explanatory variables and  
3 getting critique and feedback from other researchers, as  
4 well as looking at the vast array of crime papers that are  
5 not dealing with right-to-carry at all but are looking at  
6 other crime issues.

7 And so looking at that vast literature, you do  
8 have a very strong literature to draw on in deciding what  
9 you think is -- is the best model --

10 Q From --

11 A Oh, sorry.

12 Q I'm sorry.

13 A So just to finish. So that is essentially the  
14 process that I -- I went through over the course of years,  
15 refining my model and -- and really the first time that I  
16 ever came to the conclusion that I think this is what I  
17 think is the best model was in this paper.

18 In the past I was always just trying different  
19 possibilities without -- without specifically saying, I  
20 think this is best model. So this is the first time I  
21 took that -- that final step in analyzing the panel data.

22 Q In that body of literature you mentioned, did you  
23 review any studies of crime rates in general, not just on  
24 right-to-carry laws, to determine what variables have been  
25 found to affect violent crime rates?

JOHN J. DONOHUE Volume II  
FLANAGAN, et al. vs BECERRA, et al.

August 08, 2017  
255

1 A Yes, I looked at a large number of crime studies  
2 to draw that conclusion.

3 Q Are any of them named in your DAW?

4 MR. EISENBERG: Objection; vague and ambiguous as  
5 to "named in your DAW."

6 BY MR. BRADY:

7 Q Are any of those studies that you mentioned that  
8 there are many of referred to, cited, mentioned in your  
9 DAW?

10 MR. EISENBERG: Again vague and ambiguous as to  
11 "DAW."

12 BY MR. BRADY:

13 Q You understand what DAW is, do you not,  
14 Professor?

15 A So -- so I am assuming that that is referring to  
16 the paper that was the exhibit attached to my report. And  
17 that paper does cite a large number of papers that deal  
18 with crime issues and that I relied upon, but it  
19 doesn't -- it doesn't capture every paper. But it does  
20 cite quite a number of them.

21 Q And ones that just have to do with crime rates in  
22 general, not just right-to-carry laws. Is that what  
23 you're asserting?

24 A Umm -- yes, I would -- I would certainly have --  
25 have looked at and learned from studies that -- that were





1 either focused on right-to-carry laws or were just in  
2 general crime studies.

3 So for example, the Brennan Center report was  
4 just a general analysis of crime not specifically focused  
5 on right-to-carry.

6 Q Okay.

7 How many of the control variables in your  
8 preferred DAW model showed significant association of the  
9 5% significance level with violent crime rates?

10 A In the panel data analysis?

11 Q Correct.

12 Just to be clear before you answer, right now I'm  
13 solely focusing on the panel data analysis. We'll get to  
14 the synthetic model in a second here, but right now just  
15 focus on panel data, please.

16 A And so of my preferred model, I believe the DAW  
17 model using manual data always showed an increase in crime  
18 that was statistically significant for violent crime in  
19 the dummy variable model.

20 Q In the dummy variable model. But you have a  
21 dummy variable model and a spline model; is that correct?

22 A That's correct.

23 Q Would the same be true for the spline model?

24 Would it always show a significant association with --

25 would the adoption of a right-to-carry law always show a

1 BY MR. BRADY:

2 Q Okay.

3 So I want to look at your tables in your study,  
4 and we already sort of addressed this and I don't know if  
5 you want to confirm or just take my word for it that I'm  
6 talking about Tables 1, 4, 5, 6, 7, and 8. In those  
7 tables you use what you referred to as a dummy variable  
8 model; correct?

9 A Yes.

10 Q Half of the estimates shown.

11 (Reporter clarification)

12 So half of the table are dummy -- dummy variable  
13 model estimates, and the other half are spline model  
14 estimates; correct?

15 A That's -- that's correct.

16 Q For the dummy variable model, does that -- does  
17 that model assume that right-to-carry laws have an impact  
18 on crime rates that is constant over time?

19 A Not necessarily. It -- it could be interpreted  
20 that way, but one can also use the dummy variable model  
21 just to get an average estimate of the impact in the  
22 post-adoption period.

23 Q And what did you do?

24 A That's all that I was trying to do is to say can  
25 we get an estimate for the average effect in the wake of

1 adoption of right-to-carry laws on violent crime.

2 And so as you noted, Table 1 shows just the state  
3 and year fixed effects, and you'll see that the estimate  
4 is a 20 percent increase, roughly, in violent crime from  
5 the dummy variable model. And then when you jump ahead to  
6 the DAW model the estimate is slightly lower, and that  
7 shows that the additional explanatory variables were  
8 explaining part of the reason why the right-to-carry  
9 states did worse after adoption of the right-to-carry  
10 laws, apart from the simple adoption of the right-to-carry  
11 laws.

12 So that gets back to our earlier discussion, did  
13 I include variables that were correlated with  
14 right-to-carry laws. And you can see very clearly that I  
15 did, because all of the other models have a different  
16 estimate than, you know, this 20.21 value that you see in  
17 Table 1.

18 Q Okay. So just to be clear.

19 Your dummy variable model in Table 1 does not  
20 assume the right-to-carry laws have an impact on crime  
21 rates that is constant over time?

22 A You could interpret it that way, but I was just  
23 using it to -- to generate an average estimate over time  
24 for the impact of right-to-carry laws on crime. ✓

25 Q You're using it that way, but does that model

1 And you show that those -- that what -- what you  
2 found in Columns 3 and 4 of Table 1, why -- why those  
3 increases were a result of right-to-carry laws?

4 A Well, what -- what I found in the DAW model,  
5 which I think appeared in Table 4, was that the -- that  
6 some of the worst performance in right-to-carry states,  
7 remember, in Table 1 we had suggested it was in the  
8 neighborhood of 20% worst crime performance after  
9 adoption. About 11% of that remained after we controlled  
10 for the other factors. So -- so essentially when we  
11 introduced the explanatory variables of the DAW model you  
12 saw that the estimated harm caused by right-to-carry laws  
13 fell from 20% to about 9.5% in Table 4.

14 Q So -- just to -- is it your position that the  
15 results in Table 1 show that the murder rate increases as  
16 a result of right-to-carry?

17 A Well, from Table 1 alone I -- I wouldn't draw any  
18 strong conclusions other than we know things were worse in  
19 right-to-carry states after they adopted the  
20 right-to-carry laws, but it doesn't -- doesn't tell us why  
21 they are worse, it just tell us that they were worse in  
22 terms of murder and violent crime and property crime.

23 Q So I'd like to refer to Table 3.

24 A Okay.

25 Q Would you say that Table 3 -- that the spline

1 models in Table 3 provide the strongest support for --  
2 strike that.

3 Would you agree that the spline models in Table 3  
4 show the strongest statistically significant change in  
5 violent crime rates as a result of right-to-carry laws --

6 MR. EISENBERG: Objection; lacks foundation.

7 BY MR. BRADY:

8 Q -- in the report.

9 A So in my expert report?

10 Q Yeah, so in this study -- I'm sorry. In this  
11 study would you say that Table 3, the spline models in  
12 Table 3, represent the most statistically significant  
13 results showing increases in violent crime as a result of  
14 right-to-carry laws in your study?

15 A Now -- now, my Table 3 says: "Table of  
16 Explanatory Variables."

17 Is that the one you're looking at?

18 Q Yes.

19 A Okay. So I'm not showing any actual estimates in  
20 that table. I'm just -- I'm just showing you the  
21 explanatory variables that each of the four models  
22 includes in their particular specification.

23 Q Okay. I think I might have looked at the wrong  
24 table. Hold on one second, please.

25 A Okay.

1 Q I'm sorry, it was Table 8.

2 A Okay. Table 8.

3 Q Yeah. To me the three looks like an eight.

4 A Yeah, yeah, no problem.

5 MR. EISENBERG: Are you talking about page 18 of  
6 the report?

7 MR. BRADY: I think -- yes.

8 MR. EISENBERG: Okay.

9 THE WITNESS: Okay. So -- so Table 8 does show a  
10 few models where in the spline version we see  
11 statistically significant estimates of right-to-carry laws  
12 on murder.

13 BY MR. BRADY:

14 Q Okay. Would you say that these are the most  
15 significantly -- statistically significant increases in  
16 the report or in your study for increase in violent crime  
17 as a result of right-to-carry laws in Table 8? The spline  
18 model.

19 A Yeah. The spline model results are probably  
20 strongest in Table 8 for murder, not for violent crime.

21 Q Not for violent crime? What table would you say  
22 is the -- tells the most statistically significant impact  
23 on right-to-carry laws for violent crime in general?

24 A For the spline model?

25 Q Yes.

1 you explained this last time, but it's -- the synthetic  
2 control is what you use to isolate the treatment data. Is  
3 that accurate?

4 A So synthetic controls is another way to do what  
5 we were trying do in the panel data analysis, which is  
6 find the true impact of the adoption of a right-to-carry  
7 law on crime, holding everything else constant.

8 Q Okay. And so the right-to-carry law would be the  
9 treatment variable; right?

10 A Exactly.

11 Q And synthetic controls are the things that you  
12 just mentioned that you supply a control for that that  
13 would isolate the impacts of the treatment; is that  
14 correct?

15 A Yes, the -- the synthetic control is designed to  
16 show us the counterfactual.

17 In other words, what would have happened in the  
18 states that adopted right-to-carry if they had not adopted  
19 right-to-carry. That's what you're trying to find out so  
20 that you can identify the true causal impact of the  
21 legislation.

22 Q Okay. Would it be fair to say that the  
23 effectiveness of the synthetic control method in  
24 estimating the impact on the treatment depends on how well  
25 the synthetic control simulates the crime trends of the

1 treatment area, prior to the implementation of the  
2 treatment?

3 MR. EISENBERG: Objection; vague and ambiguous as  
4 to "stimulates."

5 MR. BRADY: "Simulates."

6 MR. EISENBERG: Oh, "simulates" without the first  
7 "T" there. Okay.

8 MR. BRADY: There is nothing stimulating about  
9 any of this.

10 MR. EISENBERG: I withdraw my objection.

11 THE WITNESS: One of the nice features about the  
12 synthetic controls approach is that it allows us both  
13 visually and statistically to get a sense of how well your  
14 synthetic control does in the present treatment period at  
15 capturing the pattern of crime for the state that adopts  
16 the right-to-carry law.

17 BY MR. BRADY:

18 Q Okay. And what statistic do you report in -- in  
19 your study that measures how well the synthetic control  
20 simulates the pretreatment crime rate trends in  
21 right-to-carry states?

22 A So for each of the figures in my report that --  
23 that captures the impact for the individual state, I would  
24 have a measure of how well the prediction is operating in  
25 the pretreatment period. And it's called the -- sort of a



1 long-winded term here, but it's the coefficient of  
2 variation of the root-mean-square prediction error.

3 Q And can you explain it in layman's terms and dumb  
4 it real down a lot for me, please --

5 A Sure.

6 Q -- as to what that means?

7 A Sure, sure, sure. Yeah, sorry that it's not as  
8 immediately obvious as one would like.

9 But, you know, it might help to look at -- let's  
10 see, Figure 3 in the paper.

11 Q Do you know what page that's on?

12 A On my printout it says page 25 of the June 2017  
13 report.

14 Q Okay. Thank you.

15 Okay. I have Figure 4 or Figure 3?

16 A I was looking at Figure 3, which is Pennsylvania.

17 Q Yeah.

18 A And it says here that this was the -- "the state  
19 of the 33 states which had the best pre-passage fit," and  
20 you'll see that there's a number there that says:  
21 "CVRMSPE."

22 And there's -- that stands for the coefficient of  
23 variation of the root-mean-square prediction error. And  
24 the number there is essentially telling you that you're  
25 getting a very good fit. And it says: "The first of 33

1 states so arrayed." All 33 states that I estimated  
2 impacts for based on the size of this CVRMSPE, and this  
3 one had the lowest value.

4 And that meant it had the best pre-passage fit  
5 and essentially, in intuitive terms what this is telling  
6 you is that you're only off by about 1.8% for each of the  
7 pre-passage years. So that's a pretty good prediction.

8 And you can see that the lines conform rather  
9 nicely between the thick black line which is the actual  
10 pattern of crime for Pennsylvania and the dotted line  
11 which is our estimate based on the synthetic control.

12 Q Okay. So you talked about the best estimates --  
13 is the set of states that you selected here to create this  
14 synthetic control, are they the best of all combinations  
15 of states in simulating what crime trends in  
16 right-to-carry states would have been in the absence of  
17 right-to-carry laws?

18 A The synthetic controls is trying to -- trying to  
19 create a composite of states that will give you the best  
20 prediction for crime in the posttreatment period, and the  
21 way that you judge how well it's likely to do in the post  
22 period is to see how well it's doing in the pre-passage  
23 period.

24 Q And what statistics show that?

25 A Well, that's this CVRMSPE.

1 Q Okay.

2 A And so the fact that that's a small number is  
3 telling us that there's not a lot of deviation between our  
4 estimate of what happened to crime in the pre-passage  
5 period, and what really did happen in Pennsylvania in the  
6 pre-passage period.

7 Q And where does that CVRMSPE come from?

8 A Well, that -- the synthetic controls estimate  
9 allows you to generate that number which is just looking  
10 at how much the actual Philadelphia -- or Pennsylvania  
11 violent crime rate deviates from the predicted value.

12 And you're sort of summing those up over the  
13 entire period and taking their average and comparing that  
14 to the, sort of the baseline level of violent crime for  
15 Pennsylvania over this period.

16 Q So let me see if I get this.

17 Does that mean that a good state to include in  
18 the synthetic control would be one that had pre-treatment  
19 trends in both the violent crime rates and variables that  
20 affect violent crime rates that are similar to trends in  
21 the right-to-carry state?

22 A I mean, essentially what the synthetic control  
23 tries to do is find the composite of states that will do  
24 the best job of sort of lining up crime in the pre-passage  
25 period, including the impact of the variables that you

1 have specified as things that we think will likely  
2 influence crime.

3 And as we see for Pennsylvania, they -- they  
4 determine based on the synthetic control's protocol that  
5 these one, two, three, four, five, six states in the  
6 percentages weighted here, does the best job of coming up  
7 with this synthetic control.

8 And all of that is done by the computer package,  
9 that is not a choice by me. That is the way the synthetic  
10 control protocol will estimate the -- the best set of  
11 states given the explanatory variables that I have entered  
12 into the model.

13 Q So it's software that's producing this synthetic  
14 control protocol?

15 A Yeah.

16 So I'm specifying which explanatory variables to  
17 include, and those are the DAW models -- DAW variables.  
18 And once that is done, the synthetic control protocol will  
19 pick the -- the best states to generate this  
20 counterfactual for the state of Pennsylvania.

21 Q So do you just take it on faith that this  
22 software does this correctly?

23 A Well, thankfully this has been programmed and  
24 included in sort of the number one software package that  
25 applied economists use called Stata, S-T-A-T-A, and now it

1 has been used in many empirical evaluations of various  
2 types of treatment.

3 Q Okay. And this software tests for similarities  
4 between right-to-carry states and potential control states  
5 regarding variables that affect violent crime rates;  
6 correct?

7 A Is it -- it is -- it's weighting the states, you  
8 know, based on the explanatory variables you're giving  
9 them and the prior patterns of crime in these states.

10 Q So you give it the variables?

11 A That's right. You give it the variables and then  
12 it -- then it just applies its protocol according to the  
13 dictates of the protocol. So one of the things that it  
14 does is it only gives positive weights on various states.  
15 It can't give a negative weight to any state, but based on  
16 the synthetic control protocol it will generate this  
17 estimate.

18 And if you look across the various 33 states,  
19 sometimes you see that the estimates do not look  
20 particularly compelling, but for Pennsylvania it does look  
21 quite compelling.

22 Q Okay. So if you do not know what other variables  
23 affect violent crime rates, you wouldn't know what  
24 variables you should ask the software to test for  
25 regarding the similarity of the control state with the

JOHN J. DONOHUE Volume II  
FLANAGAN, et al. vs BECERRA, et al.

August 08, 2017  
312

1 position, and that's more than the number that support  
2 estimates that crime goes up.

3 But again, if you look at the studies, then you  
4 would see -- well, first of all, most of the studies that  
5 John Lott is referring to were done using data ending  
6 before 2000, so we have a lot more and better data now.  
7 And many of them have other serious problems. And none of  
8 them have looked at the impact using synthetic controls.

9 Q Okay. Setting aside your critiques of the other  
10 studies.

11 Obviously, you think yours is superior otherwise  
12 you wouldn't have done it, but would it be fair to say  
13 that most other studies in this field either conclude that  
14 right-to-carry laws have no effect on violent crime rates  
15 or that they reduce violent crime?

16 A You know, I think that that's not true for  
17 studies done since the National Research Council report of  
18 2004.

19 I think it is true for studies done before the  
20 National Research Council report of 2004.

21 Q So it's your view that post 2004 the majority of  
22 studies share your view that right-to-carry laws, in fact,  
23 increase violent crime rates?

24 MR. EISENBERG: Objection; calls for speculation.

25 A It's -- it's certainly a lot closer after 2004



1 than it was before 2004.

2 BY MR. BRADY:

3 Q Can you name the study that you think, other than  
4 your own, that shows -- that concludes that right-to-carry  
5 laws, in fact, increase violent crime rates?

6 A Again, there are a number of studies that show  
7 regression analyses that predict or estimate that the  
8 impact on violent crime is positive, in other words,  
9 increasing, when right-to-carry laws are adopted.

10 Sometimes the authors have qualified the results  
11 and said, you know, while our best model shows that  
12 right-to-carry laws increase crime they -- they did not  
13 come to any firm conclusion about what the impact really  
14 was.

15 Q Has there been any report that has not  
16 qualified -- has not so qualified its conclusion as you  
17 just explained, other than yours?

18 A Well, are you asking are there any reports  
19 showing increases in violent crime?

20 Q So, just to -- let me set the record straight  
21 here so we're clear.

22 You indicated that there are reports that have  
23 shown regressions with a positive for right-to-carry laws  
24 on violent crime --

25 A Yes.

1           Q    -- but that the authors qualify their findings,  
2 saying although there are positive showings, we're not  
3 going to make any firm conclusions on whether, in fact,  
4 right-to-carry laws increase violent crime rates; is that  
5 correct?

6                   Is that accurate about what you just said?

7           A    Umm -- let me see if I can mimic what you just  
8 said.

9                   There are a number of studies that have found  
10 right-to-carry laws increase violent crime. I can think  
11 of two of them that then qualify the results. So the  
12 Durlauf, Navarro, and Rivers study said our best model  
13 using our Bayesian econometric approach shows that violent  
14 crime increases by roughly 2% every year that it's in  
15 place.

16                   And the Zimmerman paper, which we quoted earlier,  
17 said our model estimated over two -- 1999 to 2010 shows  
18 statistically significant increases in various violent  
19 crime categories as, you know, Donohue and others have  
20 found. But both of those papers qualified their  
21 conclusions.

22                   There is another paper that Gary Kleck has -- has  
23 held up as like the best of the right-to-carry papers, and  
24 I'm not sure that that paper qualified its conclusion or  
25 not, but it did find clearly that right-to-carry laws were



JOHN J. DONOHUE Volume II  
FLANAGAN, et al. vs BECERRA, et al.

August 08, 2017  
316

1 that both of us did our analysis and found right-to-carry  
2 laws increase violent crime. I --

3 Q But, Professor, I said setting that one aside.

4 A Okay.

5 Q Because we're not sure, you know. We can clarify  
6 later if you want whether that one, in fact, does that, so  
7 let's assume that one does for the record. We'll just  
8 assume it does.

9 Is there any other study besides that one that  
10 you're aware of, and besides yours, that concludes without  
11 qualification that right-to-carry laws increase violent  
12 crime rates?

13 A You know, mine is the only study that has  
14 analyzed this -- this full set of data up through 2014,  
15 using both panel data and synthetic controls. And so in  
16 that sense my study is unique in the scope and breadth of  
17 its analysis. But apart from the -- the Kovandzic study  
18 that Gary Kleck referenced and the two other ones that I  
19 alluded to, I'm -- I'm not aware of any other studies that  
20 similarly find an increase in violent crime.

21 Q Your study cites no study specifically addressing  
22 open carry issues; correct?

23 MR. EISENBERG: Vague and ambiguous as to which  
24 study you're referring to.

25 BY MR. BRADY:

JOHN J. DONOHUE Volume II  
FLANAGAN, et al. vs BECERRA, et al.

August 08, 2017  
317

1 Q So the study, DAW, Exhibit B to the report,  
2 Exhibit 10 to this deposition, your June study that we've  
3 been talking about this entire time, in there do you cite  
4 any studies specifically dealing with open carry  
5 statistics?

6 A Yeah, I was not -- I was not aware of any such  
7 studies.

8 Q So you did not consult any study specifically  
9 addressing open carry in preparing your study; correct?

10 A Yeah, I didn't have any -- any study available.

11 Q And your study, I think we already got this, but  
12 I don't recall if it's on record, has it been published  
13 yet?

14 A No, it has not been published yet.

15 Q Has it been submitted for publication?

16 A It has been.

17 Q Can you disclose to where it's been submitted?

18 A Umm -- you know, that's a good question. I don't  
19 know if I'm supposed to say that or not, but I think it's  
20 fair --

21 Q I -- how about this. I won't force you to get in  
22 trouble with your -- the people who are doing that.

23 Can you describe what type -- is it a journal of  
24 some sort?

25 A Yeah, it's a very, very eminent journal.



1 Q Is it -- can you just say whether it's an  
2 economics journal, law review journal, statistics journal?

3 A Yeah, economics.

4 Q Okay.

5 Can you hand the court reporter the article from  
6 the L.A. Times and we'll mark that as -- what are we at,  
7 16?

8 THE REPORTER: I thought 14.

9 THE WITNESS: So the next one is 15.

10 MR. BRADY: Okay. That's right. I'm sorry,  
11 you're right.

12 MR. EISENBERG: This is the Pat Morrison article?

13 MR. BRADY: Correct.

14 Q So do you recognize this article, Professor  
15 Donohue?

16 A I do.

17 THE REPORTER: Could I have just a moment to put  
18 the sticker on, Counsel?

19 MR. BRADY: Of course.

20 (Exhibit 15 marked)

21 Q So let's start with -- on the -- I think it is --  
22 of the handout it's page 1, 2, 3, 4, 5. Page 5.

23 The question from the interviewer -- well, I  
24 guess it starts on page 4.

25 But so he says: "The saying that the NRA

JOHN J. DONOHUE Volume II  
FLANAGAN, et al. vs BECERRA, et al.

August 08, 2017  
322

1 armed citizen will."

2 A Yeah, I think the best evidence on that is the  
3 FBI report because they were -- they were looking in  
4 detail at the 160 active shooter incidents over that  
5 period 2000 to 2013.

6 While the document that you showed me was going  
7 back, you know, many, many years and was not capturing all  
8 of the cases where unarmed citizens stopped mass  
9 shootings.

10 Q I'm trying to locate where in this thing you  
11 say -- I have this written down -- I apologize -- to read  
12 this statement and see -- I think you already alluded to  
13 this so I don't think you'll dispute its accuracy.

14 But I believe you said: "So the one thing we  
15 know is that permit holders do an amazingly" -- amazing --  
16 I think it's "amazing effective job of arming criminals  
17 with their lost and stolen guns."

18 Is that your -- are those your words?

19 A Yes.

20 Q So do you have any studies showing the number of  
21 gun thefts in right-to-carry states increasing --

22 A Umm --

23 Q -- following the adoption of a right-to-carry  
24 law?

25 A There's a very good study done by Hemingway,

1 Azrael, and Miller that looks at what are the factors that  
2 lead to guns being lost and stolen. And one of the  
3 important factors was, you know, do you have a permit to  
4 carry a gun.

5 Q And that helped determine whether the amount of  
6 thefts in right-to-carry states were increased?

7 A Yes, that was the conclusion and police have made  
8 this very emphatic that as soon as you start carrying a  
9 gun in a car and leaving a gun in a car, you are going to  
10 be arming the criminals because they know where the guns  
11 are.

12 And there was just recently a case where someone  
13 broke into, you know, a large number of guns -- I believe  
14 it was in Georgia -- a large number of cars, and in a very  
15 high percentage of the cars found guns that were then  
16 stolen.

17 Q On that note, you also say -- and let me, I'll --  
18 if you want I can give you the page.

19 It's the page after the one we were previously  
20 talking about, starting with paragraph, "But," it says:  
21 "But there are also so many other ways in which carrying  
22 concealed handguns creates problems. One huge way is that  
23 guns are much more likely to be stolen when you're taking  
24 them around town and walking around. We've seen this  
25 quite a bit in California over the last couple of years.

1 "A number of incidents in San Francisco got a lot  
2 of headlines when somebody left their gun in their car, a  
3 permit holder, and somebody breaks into the car and steals  
4 the gun and within a day or so, or even a number of hours,  
5 murders someone on the street."

6 Can you cite a single example of a California --  
7 California permit holder whose firearm was stolen from  
8 their car?

9 A I mean, I can't give you any names but there are  
10 prominent murders in San Francisco and Marin that involved  
11 that exact pattern.

12 Q Are you referring to the young lady who was  
13 murdered on the San Francisco pier?

14 A That was one person, but there were others as  
15 well.

16 Q Your quote is: "When somebody left their gun in  
17 a car, a permit holder..." So is it your understanding  
18 that the person who left the gun in the car in San  
19 Francisco that was used to murder I believe her name was  
20 Kate Steinle, was a permit holder?

21 A Yes, that person did have a permit to carry a  
22 gun.

23 Q Wasn't that person a federal peace officer?

24 A Right, but would have a permit to carry a gun.

25 Q Why would a federal peace officer need a permit

JOHN J. DONOHUE Volume II  
FLANAGAN, et al. vs BECERRA, et al.

August 08, 2017  
325

1 to carry a gun?

2 MR. EISENBERG: Objection; argumentative.

3 BY MR. BRADY:

4 Q Is it your understanding of California law that a  
5 federal peace officer needs a permit to carry a firearm?

6 MR. EISENBERG: Objection; vague and ambiguous as  
7 to "permit."

8 BY MR. BRADY:

9 Q A concealed -- C.C.W. permit holder the type of  
10 permit you're referring to in this article?

11 MR. EISENBERG: Objection; mischaracterizes the  
12 content of article.

13 A Yeah, this statement is accurate. It just said  
14 that they had permission to carry a gun which means that  
15 they had permission to carry a gun. So this was not a  
16 criminal, this was someone with a lawful right-to-carry.

17 And if you remember the subsequent crime where a  
18 couple of people in Golden Gate Park stole the gun, and  
19 was used for a subsequent murder, we had the same  
20 situation yet again.

21 BY MR. BRADY:

22 Q And do you know whether that person was a  
23 concealed weapon permit holder?

24 MR. EISENBERG: Objection; vague and ambiguous as  
25 to "person."



JOHN J. DONOHUE Volume II  
FLANAGAN, et al. vs BECERRA, et al.

August 08, 2017  
327

1 know who was killed with those weapons.

2 But we certainly know, even Sean Penn has  
3 acknowledged that he lost two guns when his car was stolen  
4 in Berkeley.

5 Q And you also say that they get stolen when people  
6 are walking around. Do you have any examples of people  
7 having had firearms stolen while lawfully walking around  
8 with them in California?

9 A Let's see what I said here.

10 Yeah, so I said: "One huge way is guns are much  
11 more likely to be stolen when you're taking them around  
12 town and walking around."

13 And so what I meant by that is if you're carrying  
14 a gun outside your home, it's much more likely to be  
15 stolen. So when you're walking around and put it down as  
16 I often do with my cell phone, it's much more susceptible  
17 to be stolen than if you're keeping it in your home.

18 And so if you look at cell phone thefts and gun  
19 thefts, they're both higher outside the home than they are  
20 inside the home.

21 Q Have you seen any reports of an individual  
22 setting their firearm down in public and it being stolen?

23 A There -- there have been many reports of that.  
24 Many, many, reports.

25 Q Can you recollect one?



800.211.DEPO (3376)  
EsquireSolutions.com



1           A    You know, I don't catalogue all of the news  
2 stories, but I could certainly find you many news stories  
3 of people who have left their guns somewhere and had them  
4 taken or simply lost them.

5                   I was reading an article I think just last week  
6 where the police found a gun in a park that was left  
7 behind, so this is -- this is a very common occurrence and  
8 one of the ways in which gun carrying contributes to  
9 increases in violent crime.

10           Q   You didn't cite any studies or reports of that in  
11 your study or report; correct?

12           A   Well, I just mentioned the Hemingway, et. al.  
13 study that said one of the significant factors in  
14 explaining the large number of guns stolen in the United  
15 States is the fact that the person whose gun was stolen  
16 had the right-to-carry that gun around. That made it more  
17 likely that their gun would be stolen. And so that is a  
18 very credible statistical support.

19                   On top of that we have many anecdotal studies or  
20 anecdotal stories about the theft of guns by permit  
21 holders in California and elsewhere.

22           Q   And did that Hemingway study have any examples of  
23 people leaving their firearms behind in a public place?

24                   MR. EISENBERG: Objection; noting that the  
25 Hemingway study is not present at the deposition.

JOHN J. DONOHUE Volume II  
FLANAGAN, et al. vs BECERRA, et al.

August 08, 2017  
329

1 THE WITNESS: Yeah, the Hemingway study --

2 MR. BRADY: The deponent just mentioned the study  
3 as an example of the stolen firearm issue in public.

4 MR. EISENBERG: I agree with that statement, but  
5 my objection stands.

6 A Yeah, the Hemingway study was identifying what  
7 were the factors that contributed to the likelihood that a  
8 gun would be stolen, and one of those statistically  
9 significant factors was that you had a permit to carry the  
10 gun.

11 And therefore, that suggests to me that when you  
12 start taking your gun outside your home, you're elevating  
13 the likelihood of theft which is what almost every police  
14 chief will tell you.

15 BY MR. BRADY:

16 Q Okay. Moving on.

17 You say in the -- in the article, in the  
18 interview: "And the right-to-carry states hired a lot  
19 more police after they adopted these laws."

20 I assume you're talking about right-to-carry laws  
21 in other states?

22 A Yes.

23 Q Is that your statement?

24 A Yes.

25 Q What do you base that on?



1 toward the bottom, you see that there are a couple papers  
2 by Gary Kleck that are referenced.

3 A Yes.

4 Q So does that -- you believe that this Journal of  
5 Economic Literature did consider the opinions and the  
6 research of Professor Kleck when making its conclusions?

7 A Yes, it did.

8 Q All right. Then I'll also turn you back one page  
9 to 43, and there are four papers listed there by John  
10 J. Donohue.

11 That's you and those are your papers; correct?

12 A Yes, indeed.

13 Q And so you understand that your research was  
14 considered and your opinion was considered in this  
15 literature review?

16 A Yes, that's correct.

17 Q I'd like to switch topics to the concept of  
18 synthetic controls as an analytical tool used by  
19 statisticians and economists.

20 A Okay.

21 Q Are you aware that Professor Kleck in his  
22 deposition suggested that synthetic controlled analysis is  
23 not well enough established to be used with confidence  
24 among economists and statisticians?

25 A That was my understanding.

1 MR. BRADY: Objection; misstates testimony.

2 BY MR. EISENBERG:

3 Q Do you have an opinion about whether synthetic  
4 control analysis is generally accepted by academic  
5 economists doing research on long public policy?

6 A It certainly has been widely accepted by  
7 empirical researchers trying to estimate the effect of law  
8 or policy treatments.

9 Q And has it been used in academic papers?

10 A Yes, a very large and growing number of papers  
11 rely on the synthetic controls methodology.

12 Q Okay.

13 MR. EISENBERG: So I'd like to mark as Exhibit 18  
14 the Athey and Imbens article, State of Applied  
15 Econometrics.

16 THE WITNESS: Okay.

17 (Reporter clarification)

18 (Exhibit 18 marked)

19 BY MR. EISENBERG:

20 Q Have you had seen this paper before?

21 A I have.

22 Q Have you heard of either of the authors of these  
23 papers -- of this paper before?

24 A Yes, I actually know both of these authors.  
25 They're very top-flight economists and econometricians.

1 Q So Professor Athey, I understand, has won the  
2 John Bates Clark Medal.

3 Is that your understanding?

4 A Yes, I think she was the first female winner of  
5 the John Bates Clark Medal which is often referred to as  
6 sort of the junior Nobel prize in economics.

7 Q Right. That's what I was going to ask you, is if  
8 there's significance in your field that's somebody would  
9 win this award.

10 In other words, what is it an indication of?

11 A It's usually given to the absolute most elite  
12 professors, two of my coauthors have -- have won it. It  
13 can only be given to people before age 40.

14 But one of my coauthors who won it won the Nobel  
15 prize, and I wouldn't be surprised if my other coauthor  
16 who won it, does go on to win the Nobel prize.

17 Q And then the other -- the other author is  
18 Professor Imbens, do you know where he's a professor --  
19 strike that.

20 It says here he's a professor at Stanford GSB.  
21 You can confirm that?

22 A Yeah, he was at Harvard for a number of years and  
23 moved to Stanford a few years ago.

24 Q Would he be considered an expert in econometrics?

25 A He is one of the most elite econometricians

JOHN J. DONOHUE Volume II  
FLANAGAN, et al. vs BECERRA, et al.

August 08, 2017  
344

1 today.

2 Q All right, let -- I'm going to turn you to page 9  
3 of the report, or of the paper, rather.

4 And I'll read this sentence into the record:  
5 "This synthetic control approach developed by Abadie,  
6 Diamond, and Hainmueller, 2010-2014, and Abadie and  
7 Gardeazabal, 2003, is arguably the most important  
8 innovation in the policy evaluation literature in the last  
9 15 years."

10 Do you see that statement?

11 A Yes.

12 Q Do you believe that that is a generally held  
13 opinion among academic economists as to the importance of  
14 synthetic control approach?

15 MR. BRADY: Objection; lacks foundation; calls  
16 for speculation.

17 A I think among, you know, elite applied  
18 researchers, this is the generally accepted view. ✓

19 BY MR. EISENBERG:

20 Q So as to the view of Professor Kleck, it's unwise  
21 to use this approach until there are more -- there's more  
22 verification of its pros and cons.

23 Do you agree with that statement?

24 MR. BRADY: Objection; misstates testimony.

25 A I would not agree with that statement.



JOHN J. DONOHUE Volume II  
FLANAGAN, et al. vs BECERRA, et al.

August 08, 2017  
346

1 A Yes, I'm generally aware of his critique of  
2 county-level crime data.

3 Q Do you believe there is any merit to the  
4 critique?

5 MR. BRADY: Objection; misstates testimony; calls  
6 for speculation; lacks foundation.

7 A I do agree with him that there are problems with  
8 county-level crime data.

9 BY MR. EISENBERG:

10 Q Are you aware that Professor Kleck has also made  
11 critiques of data about aggravated assaults?

12 MR. BRADY: Objection; calls for speculation and  
13 misstates testimony; vague and ambiguous.

14 A Yes, it is my understanding of his report that he  
15 is critical of the data on aggravated assault.

16 BY MR. EISENBERG:

17 Q Do you believe that aggravated assault data as  
18 compiled by the FBI in Uniform Crime Reports is not  
19 appropriate for academic analysis?

20 A No, I do not agree with that.

21 Q Do you believe that that data is appropriate for  
22 academic analysis?

23 A Yes, and all of those studies that were referred  
24 to by the Council of Economic Advisors, and the paper by  
25 Justin McCrary and his coauthor, relied on that Uniform

1 likely for individual states than for the nation as a  
2 whole.

3 Q In this aggregated data is data for aggravated  
4 assaults more fluctuating than the data for the other  
5 individual crimes?

6 MR. BRADY: Objection; calls for speculation;  
7 vague, ambiguous as to "flexible."

8 A I mean, in general, the -- the larger the crime  
9 category, the less volatile you would expect that crime  
10 category to be.

11 And since aggravated assault is, for example,  
12 more common than murder, you would expect aggravated  
13 assault to be a less volatile series than the time series  
14 for murder.

15 Q Is that, in fact, true?

16 A Yes.

17 Q Let me ask you about the third column from the  
18 left, which is: Violent Crime.

19 If you'll see there's a footnote right there in  
20 the header for the column. And if you turn to the second  
21 page you see -- the second page at least of my printout,  
22 you see what that footnote says and it says: The violent  
23 crime figures include the offenses of murder, rape, legacy  
24 definition, robbery and aggravated assault.

25 A Yep.



1 Q Is it your understanding that the FBI has this  
2 category of violent crime that includes murder, rape,  
3 robbery, and aggravated assault?

4 A Yes, it does.

5 Q So when you did your study with some aggregated  
6 crimes you were using the FBI definition of violent crime  
7 other than the fact that you separated out murder; is that  
8 right?

9 MR. BRADY: Objection; lacks foundation.

10 A Yes, I did the analysis in a number of different  
11 ways.

12 So if you look at the first column of my analysis  
13 I would typically show in the panel data the murder rate,  
14 the second column the count of murders, and the third  
15 column would be violent crime which would include all of  
16 the crime that the FBI considers to be in the violent  
17 crime category.

18 BY MR. EISENBERG:

19 Q Are you aware that Professor Kleck accused you of  
20 putting rape, robbery, and aggravated assault together in  
21 order to obscure the weakness of your results?

22 MR. BRADY: Objection; misstates testimony;  
23 argumentative; lacks foundation; calls for speculation.

24 A I was aware of that general claim.

25 BY MR. EISENBERG:

1 Q Did you, in fact, aggregate those crime  
2 categories to obscure the weaknesses in your results?

3 MR. BRADY: Objection; compound question.

4 A No, I mean, I -- I followed a very  
5 well-established tradition of looking at violent crime  
6 rates.

7 But there was also a very particular benefit in  
8 the synthetic controls analysis because the conclusion  
9 across every set of explanatory variables that I looked  
10 at, and those were the ones that we'd been speaking of, my  
11 set of explanatory variables -- the Brennan Center, the  
12 Lott and Mustard, as well as the Marvell and Moody set of  
13 explanatory variables, all gave robust and strongly  
14 significant findings that the adoption of a right-to-carry  
15 law would lead to increases in violent crime.

16 And that finding was the single most robust and  
17 consistent finding in all of my analysis. And so it was  
18 therefore very helpful to be able to show a very strongly  
19 robust finding in a literature that has often been  
20 somewhat frustrating to researchers because the results  
21 were more variable than a researcher would ordinarily  
22 like.

23 BY MR. EISENBERG:

24 Q And you did a 2014 paper on roughly the same  
25 topic as your new paper; correct?

1 A That's correct.

2 Q And at least one of the coauthors there is also a  
3 coauthor on the current paper; correct?

4 A That's right.

5 Q And in the 2014 paper you broke out the data for  
6 aggravated assaults separately from other violent crime  
7 categories; correct?

8 A That's correct.

9 Q What were -- what were your findings as to the  
10 effect of right-to-carry laws on aggravated assault rates  
11 per your 2014 paper?

12 A That paper using the panel data analysis and the  
13 models that we were employing found that aggravated was  
14 elevated when right-to-carry laws were adopted.

15 Q And since you submitted your expert report in  
16 this case, in the Flannigan case on June 1st, you've  
17 done -- you've rerun some of your regression analyses  
18 breaking out aggravated assault from the category violent  
19 crime; correct?

20 MR. BRADY: Objection; assumes facts not in  
21 evidence; lacks foundation.

22 Go ahead.

23 A Yeah, as I testified in my first day of  
24 deposition, I did respond, in my own mind at least, to the  
25 criticisms that Professor Kleck had made by looking at the

1 individual violent crime categories using the synthetic  
2 controls approach.

3 BY MR. EISENBERG:

4 Q And again, could you state generally what the  
5 results were for the aggravated assault data in your new  
6 paper?

7 MR. BRADY: Objection; vague and ambiguous as to  
8 "new paper."

9 MR. EISENBERG: You are absolutely correct. Let  
10 me withdraw the question.

11 Q Can you state what the results were for the rerun  
12 analyses that you did for aggravated assault data after  
13 the May 23rd posting of your paper?

14 MR. BRADY: Objection; assume facts not in  
15 evidence; lacks foundation.

16 A Yes. The synthetic controls estimates,  
17 regardless of the particular set of explanatory variables  
18 that was used, showed a highly statistically significant  
19 impact on aggravated assault rising when right-to-carry  
20 laws were about to...

21 BY MR. EISENBERG:

22 Q All right. I'm going to refer you to a document  
23 that I believe you have, but I'm not 100% certain if you  
24 have it with you. It's the Kovandzic paper that we have  
25 been talking about.

UNITED STATES DISTRICT COURT  
CENTRAL DISTRICT OF CALIFORNIA -- WESTERN DIVISION

*FLANAGAN vs. BECERRA*

DEPOSITION ERRATA SHEET

DEPOSITION OF JOHN J. DONOHUE, Volume II , August 8, 2017

I use the page numbers that continue the pagination from the first volume of my deposition (which pagination differs from the page count in the second volume). I begin with a list of page and line numbers where the transcript mis-spells my last name as “Donahue” (when it should be “Donohue”):

- Page 237, line 10
- Page 239, line 16
- Page 239, line 22
- Page 240, line 17
- Page 241, line 14
- Page 242, line 18
- Page 246, line 7
- Page 369, line 12

Also:

P. 242 (error in transcription or misspoken word)

Change:

09:45AM 9 I can't do it here because I'm not with the court

To:

09:45AM 9 I can't do it here because I'm here with the court

P. 270 (error in transcription or misspoken word)

Change:

10:58AM 21 introductions and appropriate set of explanatory variables

To:

10:58AM 21 introducing an appropriate set of explanatory variables

P. 271 (erroneous recollection of numerical figure)

Change:

11:00AM 9 adoption. About 11% of that remained after we controlled

To:

11:00AM 9 adoption. About half of that remained after we controlled

P. 274 (error in transcription or misspoken word)

Change:

11:07AM 4 was collected from between 2002 and 2014 only; correct?

To:

11:07AM 4 was collected from between 2000 and 2014 only; correct?

P. 288 (erroneous grammar in transcription)

Change:

11:35AM 4 determine based on the synthetic control's protocol that

To:

11:35AM 4 determine based on the synthetic controls protocol that

P. 290 (error in transcription or inadvertently omitted word)

Change:

11:39AM 6 So I remember when we were talking about panel

To:

11:39AM 6 So remember when we were talking about panel

P. 293 (error in transcription or misspoken word)

Change:

11:45AM 9 present right-to-carry trends for violent crime were not

To:

11:45AM 9 pre-right-to-carry trends for violent crime were not

P. 293 (error in transcription or misspoken word)

Change:

11:45AM 18 present right-to-carry similarity that the -- that the

To:

11:45AM 18 pre-right-to-carry similarity that the -- that the

P. 294 (error in transcription or misspoken word)

Change:

11:48AM 23 is it -- how is it implicating your estimates based on how

To:

11:48AM 23 is it -- how is it influencing your estimates based on how

P. 298 (error in transcription or misspoken word)

Change:

11:54AM 21 they sort of mimic the growth and right-to-carry permits

To:

11:54AM 21 they sort of mimic the growth in right-to-carry permits

P. 301 (error in transcription or misspoken word)

Change:

11:59AM 11 professor at Harvard who was the initiatory of the

To:

11:59AM 11 professor at Harvard who was the initiator of the

P. 308 (error in transcription or misspoken word)

Change:

12:14PM 13 non-gun crime as more or greater than the stimulus to gun

To:

12:14PM 13 non-gun crime as much or more than the stimulus to gun

P. 313 (error in transcription or speaking unnecessary word)

Change:

12:24PM 17 said our model estimated over two -- 1999 to 2010 shows

To:

12:24PM 17 said our model estimated over 1999 to 2010 shows

Also p. 313 (error in transcription or misspoken word)

Change:

12:24PM 23 held up as like the best of the right-to-carry papers, and

To:



12:24PM 23 held up as likely the best of the right-to-carry papers, and

P. 332 (error in transcription or misspoken word)

Change:

12:55PM 2 inattentive to NRA members as -- are much less tentative

To:

12:55PM 2 inattentive to NRA members as -- are much less attentive

P. 340 (error in transcription or misspoken word)

Change:

01:17PM 22 deposition suggested that synthetic controlled analysis is

To:

01:17PM 22 deposition suggested that synthetic controls analysis is

P. 351 (misspelling)

Change:

01:34PM 16 this case, in the Flannigan case on June 1st, you've

To:

01:34PM 16 this case, in the Flanagan case on June 1st, you've

P. 352 (error in transcription or misspoken word)

Change:

01:36PM 20 laws were about to

To:

01:36PM 20 laws were adopted

P. 358 (error in transcription or misspoken word)

Change:

01:46PM 24 mechanism form compiling the data,

To:

01:46PM 24 mechanism for compiling the data,

DECLARATION UNDER PENALTY OF PERJURY

2 ASSIGNMENT NO. J0614175

3 FLANAGAN vs CALIFORNIA ATTORNEY GENERAL XAVIER BECERRA

4

5

6 I declare under penalty of perjury that I have read  
7 the entire transcript of my deposition taken in the  
8 captioned matter or the same has been read to me, and the  
9 same is true and accurate, save and except for changes  
10 and/or corrections, if any, as indicated by me on the  
11 DEPOSITION ERRATA SHEET hereof, with the understanding  
12 that I offer these changes as if still under oath.

Signed on the 24 day of August, 2017.

A handwritten signature in black ink, reading "John J. Donohue III". The signature is written in a cursive style with a prominent "J" and "D".

JOHN J. DONOHUE III